

EXHIBIT “BB”

1 SUPERIOR COURT OF THE STATE OF CALIFORNIA

2 FOR THE COUNTY OF LOS ANGELES

3 DEPARTMENT NO. 316

HON. JACK KOMAR, JUDGE

4 COORDINATION PROCEEDING)

5 SPECIAL TITLE (RULE 1550B))

6 ANTELOPE VALLEY GROUNDWATER CASES))

JUDICIAL COUNCIL
COORDINATION
NO. JCCP4408

7 PALMDALE WATER DISTRICT AND)
8 QUARTZ HILL WATER DISTRICT,)

SANTA CLARA CASE NO.
1-05-CV-049053

9 CROSS-COMPLAINANTS,)

10 VS.)

11 LOS ANGELES COUNTY WATERWORKS,)
12 DISTRICT NO. 40, ET AL,)

13 CROSS-DEFENDANTS.)
14

15 REPORTER'S TRANSCRIPT OF PROCEEDINGS

16 WEDNESDAY, MARCH 16, 2011

17
18 APPEARANCES:

19 (SEE APPEARANCE PAGES)
20
21
22
23
24
25
26

27 GINGER WELKER, CSR #5585
28 OFFICIAL REPORTER

1 APPEARANCES:

2
3 ANTELOPE VALLEY GROUNDWATER
4 AGREEMENT ASSOCIATION
(AGWA)

BROWNSTEIN, HYATT, FARBER
& SCHRECK
BY: MICHAEL FIFE
BRADLEY J. HERREMA
21 EAST CARRILLO STREET
SANTA BARBARA, CA 93101
(805) 963-7000

8 TEJON RANCH CORP

KUHS & PARKER
BY: ROBERT G. KUHS
1200 TRUXTUN AVENUE
SUITE 200
BAKERSFIELD, CA 93301
(661) 322-4004

13 L.A. COUNTY WATERWORKS
14 DISTRICT NO. 40

BEST, BEST & KRIEGER, LLP
BY: JEFFREY V. DUNN
STEFANIE HEDLUND
5 PARK PLAZA, SUITE 1500
IRVINE, CA 92614
(949) 263-2600

17 CITY OF LANCASTER &
18 ROSAMOND CSD

MURPHY & EVERTZ
BY: DOUGLAS J. EVERTZ
650 TOWN CENTER DRIVE
SUITE 550
COSTA MESA, CA 92626
(714) 277-1700

22 U.S. BORAX

MORRISON & FOERSTER, LLP
BY: WILLIAM M. SLOAN
425 MARKET STREET
SAN FRANCISCO, CA 94105
(415) 268-7209

26 QUARTZ HILL WATER DISTRICTS

CHARLTON WEEKS
BY: BRADLEY T. WEEKS
1007 W. AVE. M-14, SUITE A
PALMDALE, CA 93551
(661) 265-0969

APPEARANCES (CONTINUED)

LITTLEROCK CREEK IRRIGATION
DISTRICT & PALM RANCH IRRIGATION
DISTRICT:

LEMIEUX & O'NEILL
BY: WAYNE LEMIEUX
2393 TOWNSGATE ROAD
SUITE 201
WESTLAKE VILLAGE, CA 91361
(805) 495-4770

CITY OF LOS ANGELES

LOS ANGELES CITY ATTORNEY
DEPARTMENT OF WATER & POWER
BY: JULIE CONBOY RILEY
111 NORTH HOPE STREET
ROOM 340
LOS ANGELES, CA 90051
(213) 367-4513

CALIFORNIA WATER SERVICES
COMPANY

JOHN S. TOOTLE
CORPORATE COUNSEL
2632 W. 237TH STREET
TORRANCE, CA 90505-5272
(310) 257-1488

ANTELOPE VALLEY EAST
KERN WATER AGENCY
(AVEK)

BRUNICK, MCELHANEY &
BECKETT
BY: WILLIAM J. BRUNICK
1839 COMMERCE CENTER WEST
SAN BERNARDINO, CA 92408
(909) 889-8301

PALMDALE WATER DISTRICT

LAGERLOF, SENECA, GOSNEY
& KRUSE, LLP
BY: THOMAS S. BUNN III
301 NORTH LAKE AVENUE
10TH FLOOR
PASADENA, CA 91101-4108
(626) 793-9400

DIAMOND FARMING COMPANY
AND CRYSTAL ORGANIC

LEBEAU, THELEN, MCINTOSH &
CREAR
BY: BOB H. JOYCE
5001 EAST COMMERCE CENTER DR.
P.O. BOX 12092
BAKERSFIELD, CA 93389-2092
(661) 325-8962

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26
27
28

I N D E X

W I T N E S S E S

DIAMOND FARMING WITNESS	DIRECT	CROSS	REDIRECT	RECROSS	COURT
STEVEN B. BACHMAN					
BY MR. JOYCE	2				

E X H I B I T S

DIAMOND FARMING	FOR I.D.	IN EVIDENCE
B15 - TITLE PAGE	9	
B16 - CURRICULUM VITAE	9	
B17 - INTRODUCTION	9	
B18 - ELEMENTS/CALCULATING	11	
B19 - BALANCING/EQUATIONS	13	
B20 - BASE PERIOD CRITERIA	17	
B21 - BASE PERIOD AV	21	
B22 - PURVEYORS BASE PERIODS	25	
B23 - BASE PERIODS	27	
B24 - WILDERMUTH'S METHOD	29	
B25 - ANALYSIS/S.E. REPORT	31	
B26 - HYDROGRAPH ANALYSIS-1	36	
B27 - HYDROGRAPH ANALYSIS-2	39	
B28 - HYDROGRAPH ANALYSIS-3	41	
B29 - EFFORTS IN ANALYZING	45	
B30 - ELEVATION/STORAGE GIS	45	

	<u>DIAMOND FARMING</u>	<u>FOR I.D.</u>	<u>IN EVIDENCE</u>
1			
2	B31 - DATA SHAPEFILE	46	
3	B32 - PRETRIAL CONTOURS	48	
4	B43 - 1951 TRIAL CONTOURS	55	
5	B44 - 1951 TRIAL CONTOURS	57	
6	B45 - 1951 TRIAL CONTOURS	58	
7	B46 - 1963 TRIAL CONTOURS	61	
8	B47 - 1971 TRIAL CONTOURS	61	
9	B48 - 1979 TRIAL CONTOURS	62	
10	B49 - 2005 TRIAL CONTOURS	63	
11	B50 - 2005 TRIAL CONTOURS	64	
12	B51 - 2009 TRIAL CONTOURS	64	
13	B52 - 2009 TRIAL CONTOURS	64	
14	B53 - WILDERMUTH CONTOURS	74	
15	B54 - WELL DATA/CONTOURS A-A	78	
16	B55 - WILDERMUTH'S A-A/GRID	81	
17	B56 - GRID LEVELS (1963)	82	
18	B57 - GRID VALUES ('51-'63)	84	
19	B58 - CONTOUR CORRECTIONS	86	
20	B59 - CORRECT STORAGE GRID	90	
21	B60 - DIFFERENCE/CALCULATION	92	
22	B61 - DISCREPANCIES #2	94	
23	B62 - COMPARISON GRAPH	95	
24	B63 - COMPARISON GRID	98	
25	B64 - COMPARISON GRID	100	
26	B65 - ANALYSIS OF GRID	103	
27	B66 - ANALYSIS OF GRID	104	
28	B67 - FINAL ANALYSIS GRID	104	

	<u>DIAMOND FARMING</u>	<u>FOR I.D.</u>	<u>IN EVIDENCE</u>
1			
2	B68 - SPECIFIC YIELD INFO	111	
3	B69 - STORAGE CALCULATIONS	123	
4	B70 - STORAGE IN AQUIFERS	125	
5	B71 - BASIN WATER BALANCE	129	
6	B72 - POTENTIAL ERROR IN	143	
	WATER BALANCE		
7	B73 - LAG TIME/RETURN FLOWS	149	
8	B74 - SENSITIVITY ANALYSIS	155	
9	B75 - PURVEYORS' NUMBERS	156	
10	B76 - AREAS OF UNCERTAINTY	161	
11	B77 - '85-'05 WELL DATA	182	
12	B78 - '85-'05 WATER CHANGE	187	
13	B79 - '85-'05 WATER LEVEL	190	
	(COLORED)		
14	B80 - GRID MATRIX	199	
	B81 - COMPARISON/METHODS	201	
15	(85-05)		
	B83 - COMPARISON/METHODS	205	
16	(79-92)		
17	B84 - BASE PERIOD('85-'05)	213	
18	B85 - HYDROGRAPHS('85-'05)	214	
19	B86 - HYDROGRAPHS('85-'05)	215	
20	B87 - HYDROGRAPHS('85-'05)	219	

(NO EXHIBIT 88 NOR EXHIBIT 89 MARKED;
NEXT EXHIBIT WILL BE EXHIBIT 90.)

1 OF TRICKY TO ANALYZE. SO THAT IS SOMETHING THAT HAS
2 BEEN ESTIMATED. AND OF COURSE, JUST THE ACREAGE AND
3 WHAT KIND OF CROP, HOW MUCH OF THE CROP, HAS TO BE
4 ESTIMATED AS WELL.

5 AND FROM THAT, THERE'S SOME CALCULATION OF
6 AGRICULTURAL PUMPING. SO YOU CAN CALCULATE THE WATER
7 USE, THE CONSUMPTIVE USE OF THE PLANT, BUT THEN THERE IS
8 WATER THAT IS PUMPED BEYOND JUST THE CONSUMPTIVE USE OF
9 THE PLANT.

10 THERE IS WATER -- THERE IS WATER THAT GOES
11 TO WASTE, EVAPORATES. THERE IS WATER THAT IS USED FOR
12 LEECHING SALTS. THERE ARE A WHOLE NUMBER OF POTENTIAL
13 OTHER THINGS THAT GO INTO AGRICULTURAL PUMPING.

14 SO WHEN YOU HAVE A CROP WATER USE, THEN THE
15 NEXT THING YOU GO TO IS AGRICULTURAL PUMPING, AND AGAIN,
16 YOU HAVE TO MAKE SOME ASSUMPTIONS ABOUT SOME PIECES OF
17 THAT AS WELL.

18 Q BY WAY OF AN EXAMPLE, IF YOU WERE TO USE
19 STRICTLY WATER USE, THEN THAT WOULD PRESUPPOSE A
20 CALCULATION PREMISED UPON JUST THE CONSUMPTIVE NEEDS OF
21 A GIVEN CROP; CORRECT?

22 A THAT'S CORRECT.

23 Q PRESUMABLY, THAT ESTIMATION WOULD NOT TAKE
24 INTO CONSIDERATION ANY PUMPING THAT WAS NECESSARY IN
25 ORDER TO PREPARE A FIELD FOR PLANTING, PREIRRIGATION,
26 THINGS OF THAT NATURE?

27 A IT DEPENDS HOW YOU CALCULATE IT. SOMETIMES
28 IF YOU PREIRRIGATE, THAT BECOMES PART OF CONSUMPTIVE

1 USE. IT DEPENDS HOW LONG IT SITS. THERE ARE A NUMBER
2 OF FACTORS.

3 Q SO WHETHER OR NOT IT IS ACCURATELY
4 QUANTIFIED REMAINS AN OPEN QUESTION?

5 A AND THE PROBLEM IS THAT EVERY INDIVIDUAL
6 WHO'S GROWING CROPS HAS A DIFFERENT WAY OF DOING IT.
7 AND SO TRYING TO FIGURE OUT ALL THE WAYS THAT EVERYONE
8 IS DOING IT IS REALLY PRETTY TRICKY. INVARIABLY,
9 THEY'RE DOING IT LOTS OF DIFFERENT WAYS.

10 Q SURFACE WATER DELIVERY -- OR DIVERSIONS?

11 A RIGHT. SURFACE WATER DIVERSIONS. THERE
12 AREN'T THAT MANY SURFACE WATER DIVERSIONS IN THE
13 ANTELOPE VALLEY, BUT IN MANY CASES, THAT'S NOT METERED.
14 IT IS SOME KIND OF ESTIMATION. THERE'S A WIDE RANGE OF
15 HOW YOU WOULD POTENTIALLY DO THAT, WHETHER IT'S METERED
16 OR NOT.

17 I'M JUST GOING TO TALK ABOUT SOME OF THE
18 OTHER THINGS HERE, TOO.

19 THE AGRICULTURAL RETURN FLOWS, THAT TURNS
20 OUT TO BE A VERY IMPORTANT COMPONENT IN THE WATER BUDGET
21 IN THE ANTELOPE VALLEY, ESPECIALLY SINCE THERE WAS A LOT
22 OF IRRIGATION. AGRICULTURAL IRRIGATION WAS GOING ON
23 EARLY ON, AS I WAS TALKING ABOUT BEFORE, IN THE PERIODS
24 OF '50S THROUGH THE EARLY '60S. AND THAT RETURN FLOW
25 GOES DOWN AND ACTS AS A RECHARGE SOURCE.

26 SO THE AMOUNT AND TIMING OF THAT IS
27 EXTREMELY IMPORTANT. JUST THE AMOUNT, OF COURSE, OF
28 RETURN FLOWS COMES OUT OF THE OTHER ASSUMPTIONS WE HAVE

1 MADE ABOUT THE CROP WATER USE AND HOW MUCH WATER WAS
2 PUMPED BECAUSE THAT OBVIOUSLY DRIVES HOW MUCH YOU ARE
3 HAVING GOING TO RETURN FLOW.

4 AGAIN, THE AMOUNT OF IT IS IMPORTANT. AND
5 IN THIS CASE, ONE OF THE ISSUES IS HOW LONG IT TAKES TO
6 GET TO THE WATER TABLE, WHAT WE ARE CALLING THE "LAG
7 TIME," AS WELL.

8 SO ALL THOSE ARE POTENTIAL ISSUES THAT COME
9 INTO A MARGIN OF ERROR.

10 Q AND WHEN YOU FIRST INITIATED YOUR
11 EVALUATION, IN ANTICIPATION OF ASSISTING MY CLIENT BY
12 TESTIFYING AS AN EXPERT IN THIS PROCEEDING, DID YOU
13 BRING TO MY ATTENTION ONE AREA OF CONCERN THAT YOU HAD,
14 AND THAT BEING THE LAG TIME FOR THE CALCULATION OF
15 RETURN FLOWS FROM AG. IRRIGATION?

16 A YES, I DID.

17 Q DID YOU, IN FACT, URGE ME OR MY CLIENT TO
18 RETAIN ANOTHER EXPERT TO FACILITATE AND ADDRESS THAT
19 ISSUE?

20 A YES, I DID. I THOUGHT THAT WAS AN IMPORTANT
21 ENOUGH ISSUE THAT SOMEBODY WHO HAS HAD A LOT OF
22 EXPERIENCE WITH THAT SHOULD BE RETAINED.

23 Q AND CAN YOU IDENTIFY THE PERSON THAT YOU
24 DIRECTED US TO RETAIN.

25 A DR. MARK GRISMER FROM UC DAVIS.

26 Q AND YOU RECOMMENDED HIM -- IN YOUR OPINION,
27 THAT HE WAS AN EXPERT CAPABLE OF PROVIDING A REASONABLE
28 ESTIMATE THAT WOULD ADDRESS THE ISSUE?

1 A YES.

2 Q OKAY. AND I ASSUME THAT YOU ARE AWARE OF
3 HIS EFFORTS IN THAT REGARD?

4 A YES.

5 Q YOU ARE AWARE OF HIS CONCLUSIONS AS WELL?

6 A YES.

7 Q DO YOU FIND HIS CONCLUSIONS TO BE
8 ACCEPTABLE?

9 A YES.

10 Q WITH RESPECT TO URBAN LANDSCAPE IRRIGATION,
11 WHAT'S THE ISSUES THAT SURROUND THAT TOPIC?

12 A LANDSCAPE IRRIGATION IS NOT UNLIKE THE CROP
13 WATER USE. WE HAVE TO DETERMINE HOW MUCH WATER FROM --
14 YOU MAY BE METERED GOING INTO A RESIDENCE, FOR INSTANCE,
15 BUT THERE'S NO METERING, OBVIOUSLY, THAT GOES ON BETWEEN
16 HOW MUCH WATER IS GETTING USED INSIDE OR OUTSIDE AND HOW
17 IT'S BEING USED OUTSIDE.

18 SO THE AMOUNT OF IRRIGATION THAT GOES ON IN
19 THE LANDSCAPING -- PARTICULARLY IN A DRY, WINDY AREA,
20 LIKE THE ENVIRONMENT SOME OF THE TIME IN ANTELOPE
21 VALLEY -- THOSE LOSSES CAN BE PRETTY BIG. AND OF
22 COURSE, THERE'S ALSO THE POTENTIAL FOR RETURN FLOW
23 COMING FROM THAT AS WELL, JUST AS THERE IS FROM
24 AGRICULTURAL PUMPING.

25 IT IS EVEN MORE DIFFICULT FROM AGRICULTURAL
26 PUMPING BECAUSE MOST PEOPLE ARE KIND OF CLUELESS ABOUT
27 HOW MUCH WATER THEY SHOULD IRRIGATE, AND THEY JUST KIND
28 OF TURN THE FAUCETS ON OR THEY SET IT ON AUTOMATIC, AND

1 IT RUNS.

2 SO WE GET A WIDE RANGE OF CULTURAL THINGS
3 THAT COME INTO THIS ON THE RETURN FLOWS AND THE
4 IRRIGATION ITSELF. SO THAT'S A VERY HARD NUMBER TO COME
5 UP WITH.

6 AND THEY WERE DONE TWO DIFFERENT WAYS HERE
7 FOR THE TRIAL. ONE WAS KIND OF A -- THAT THE PURVEYORS
8 USED WAS, A CERTAIN AMOUNT OF WATER WOULD JUST BE
9 ALLOCATED TO OUTSIDE IRRIGATION BASED ON A STUDY IN SOME
10 AREA.

11 THE ONE THAT -- FOR THE INFORMATION THAT I
12 USED FROM THE EXPERTS FROM -- WAS -- LOOKED AT LANDSCAPE
13 IRRIGATION AND RETURN FLOW AS MUCH AS AGRICULTURAL
14 PUMPING AND IRRIGATION.

15 SO THERE ARE DIFFERENT WAYS TO APPROACH IT,
16 AND YOU GET DIFFERENT NUMBERS BECAUSE OF IT ALL AS WELL.

17 Q AND DO YOU CONSEQUENTLY GET DIFFERENT
18 RESULTS?

19 A YES, AND THAT, OF COURSE, ALL GIVES YOU
20 DIFFERENT RESULTS.

21 THESE -- WHAT WE ARE TALKING ABOUT NOW -- WE
22 HAVE BEEN TALKING A LOT ABOUT THE CHANGE OF STORAGE AND
23 HOW IT IS RELATED TO THE NATURAL RECHARGE, BUT THERE ARE
24 ALL THOSE OTHER FACTORS THAT ARE IN THERE, THE INS AND
25 OUTS AS WELL. AND SO THESE ARE ALL THE NUMBERS THAT GO
26 INTO THOSE OTHER INS AND OUTS.

27 SO I CERTAINLY DON'T WANT TO, YOU KNOW,
28 LEAVE ANY IMPRESSION THAT THOSE OTHER NUMBERS DON'T ALSO

1 HAVE A MARGIN OF ERROR ASSOCIATED WITH THEM AS WELL.

2 Q LET'S GO BACK TO EARLIER, WHEN WE WERE
3 LOOKING AT THE EQUATION ITSELF, AND YOU WERE TELLING US
4 THAT EACH OF THOSE INPUT AND OUTPUT SYMBOLS INVOLVED
5 CALCULATIONS WITHIN CALCULATIONS.

6 A THAT'S RIGHT.

7 Q THESE ARE THE KIND OF ISSUES YOU ARE TALKING
8 ABOUT THAT ARE SUBCALCULATIONS THAT ARE NECESSARY TO
9 ARRIVE AT THE GROSS NUMBER USED TO COMPLETE THE FORMULA.

10 A THAT'S -- AND THEN --

11 Q PLEASE, GO AHEAD.

12 A AND THEN THE NEXT ONE, URBAN FLOW TO SEPTIC
13 SYSTEMS, BECAUSE THAT GETS HANDLED A DIFFERENT WAY. THE
14 TWO MAIN WAYS THAT WATER GETS USED IN URBAN: SOME OF IT
15 GOES TO LANDSCAPE IRRIGATION, AND THE REST OF IT GOES TO
16 SEPTIC. THERE IS SOME LOSS, BUT THAT IS WHERE MOST OF
17 THE WATER GOES.

18 SO THE AMOUNTS OF THAT ARE OBVIOUSLY
19 IMPORTANT. IT IS KIND OF HAND IN HAND WITH THE
20 LANDSCAPE IRRIGATION. BUT THERE ALL KINDS OF
21 DIFFERENT -- WITHIN SEPTIC SYSTEMS AND THOSE DISCHARGES,
22 THERE ARE ALL KINDS OF DIFFERENT SITUATIONS.

23 ONE OF THE ISSUES THAT COMES UP IS HOW FAST
24 THAT WATER GETS BACK DOWN TO THE WATER TABLE AFTER IT
25 GOES THROUGH A SEPTIC SYSTEM AS WELL. SO THAT IS
26 ANOTHER UNCERTAINTY.

27 AND THROWING ALL THOSE URBAN USES TOGETHER,
28 THE URBAN RETURN FLOWS -- WE KIND OF TALKED ABOUT THEM A

1 LITTLE BIT -- IT'S JUST LIKE THE AGRICULTURAL RETURN
2 FLOWS: THE AMOUNT AND TIMING OF THOSE IS REALLY
3 IMPORTANT; "TIMING," MEANING HOW FAST DOES IT GET TO THE
4 WATER TABLE?

5 Q DOES THE TIMING ELEMENT HAVE AN INFLUENCE
6 UPON WHEN IN TIME YOU CREDIT THE SUPPLY WITH THE
7 QUANTITY OF RETURN FLOW FROM THE EARLIER PERIOD?

8 A WELL, THAT IS THE REALLY IMPORTANT THING
9 BECAUSE IF YOU LOOK AT THOSE -- WE DON'T HAVE A -- WHEN
10 WE ARE LOOKING AT THAT EQUATION, WE DON'T HAVE A TIME
11 FACTOR IN THERE. SOMETIMES THOSE NUMBERS COULD BE
12 CALCULATED FOR A SINGLE YEAR; SOMETIMES YOU'D BE LOOKING
13 FOR A PERIOD.

14 BUT THINGS -- IF THERE'S A LONG DELAY TIME
15 BETWEEN, FOR INSTANCE, THE APPLICATION OF WATER INTO
16 WHEN ANY RETURN FLOWS GET DOWN TO THE WATER TABLE, THEN
17 THOSE ARE GOING TO AFFECT THE CHANGE OF STORAGE AT A
18 LATER TIME THAN WHEN THE WATER WAS, FOR INSTANCE, PUMPED
19 OUT OF THE AQUIFER. SO THEY DON'T HAPPEN IN THE SAME
20 YEAR.

21 THAT LAG TIME ISSUE IS ONE THAT I WILL
22 TALKING ABOUT MORE HERE AS WELL. BUT SO THAT IS
23 REALLY -- WHEN WE ARE TALKING ABOUT TIMING, THAT'S THE
24 IMPORTANT PART OF THAT.

25 Q WHAT ABOUT RAINFALL PERCOLATION?

26 A RAINFALL PERCOLATION? THAT WAS REALLY
27 INTERESTING IN THIS PARTICULAR CASE. THERE IS SOME
28 LITERATURE, VERY OLD LITERATURE, OUT THERE THAT SAYS ANY

1 TIME YOU'RE IN AN AREA WHERE THERE'S LESS THAN 8 INCHES
2 OF RAINFALL, THERE IS NEVER ANY RAINFALL PERCOLATION.

3 FOR ANY OF US WHO HAVE EVER WORKED ON THIS
4 PROBLEM AND HAVE BEEN IN THE DESERT WHEN THERE ARE HARD
5 RAINS, THAT DOESN'T MAKE ANY SENSE TO US AT ALL. AND SO
6 THIS IS THE PROBLEM WITH USING THESE RULES OF THUMB.

7 AND IN FACT, THE PURVEYORS USED THAT RULE OF
8 THUMB. WE DIDN'T USE THAT RULE OF THUMB IN OUR
9 CALCULATIONS. WE MADE AN ACTUAL DAILY SOIL MOISTURE
10 CALCULATION TO SEE HOW MUCH RAINFALL WOULD POTENTIALLY
11 GET THROUGH THE VADOSE ZONE AND INTO THE AQUIFER.

12 Q SO YOU DID NOT EXCLUDE THE PROBABILITY OF
13 NATURAL RECHARGE OCCURRING AS A CONSEQUENCE OF
14 PRECIPITATION IN THE VALLEY FLOOR?

15 A THAT'S RIGHT. THERE'S NOT THAT MUCH OF IT
16 BECAUSE IT DOESN'T HAPPEN THAT OFTEN, BUT CERTAINLY IT
17 DOES HAPPEN.

18 Q BUT YOU DIDN'T USE A ZERO --

19 A DIDN'T USE A ZERO NUMBER; THAT'S RIGHT.

20 Q THANK YOU. SORRY.

21 A RIGHT. AND THEN A NUMBER THAT IS ONE OF THE
22 TRICKIER NUMBERS -- AND I BELIEVE YOU HEARD SOME
23 TESTIMONY IN THE LAST COUPLE DAYS ABOUT THAT -- AND THAT
24 IS THE UNDERFLOW FROM THE MOUNTAINS. AND BECAUSE WE
25 ARE --

26 Q CAN WE -- YOU JUMPED OVER THE STREAM
27 RECHARGE.

28 A OH, I'M SORRY. I WENT OVER STREAM RECHARGE.

1 THANK YOU.

2 WE THINK -- SOMETIMES WE'LL GET A GOOD
3 HANDLE ON STREAMFLOWS BECAUSE WE HAVE GAUGES ON
4 STREAMFLOWS. AND ANY HYDROLOGIST WILL TELL YOU THAT WE
5 DON'T HAVE A VERY GOOD HANDLE ON STREAMFLOWS FROM
6 GAUGES.

7 USUALLY -- THERE ARE AT LEAST TWO REASONS:
8 ONE IS THAT SOMETIMES HIGH FLOWS AND LOW FLOWS, THE
9 GAUGES HAVE TO BE SET UP TO CATCH ONE OF THEM. FLOOD
10 CONTROL USUALLY HAS GAUGES TO CATCH THE HIGH FLOWS
11 BECAUSE THAT IS THE IMPORTANT PART OF THAT.

12 AND THE OTHER PROBLEM IS THAT UNLESS YOU
13 HAVE A HARD SURFACE SOMEPLACE -- IF YOU'VE GOT SAND IN
14 THE BOTTOM, AND YOU'RE LOOKING AT THE ELEVATION OF WHAT
15 THE WATER IS IN A CHANNEL, THE CHANNEL IS CHANGING ITS
16 SHAPE AND CHANGING ITS DEPTH CONSTANTLY.

17 SO FOR INSTANCE, WE HAVE -- IN VENTURA
18 COUNTY, WHERE WE HAVE THE USGS DOING A LOT OF THE
19 GAUGING FOR US, THEY COME OUT AT LEAST WEEKLY TO
20 RECALIBRATE ON SOME OF THE RIVERS, LIKE THE SANTA CLARA
21 RIVER, BECAUSE THESE THINGS GET SO MUCH OUT OF
22 CALIBRATION BECAUSE THE BED CHANGES; THE BOTTOM OF THE
23 BED CHANGES SO MUCH. SO IT'S NOT RUNNING THROUGH A
24 METER, NECESSARILY, HERE.

25 SO JUST THE STREAMFLOW HAS A MARGIN OF ERROR
26 ON IT. AND THEN THE RECHARGE OF WHERE THAT GOES AND HOW
27 FAST IT GETS INTO THE AQUIFER IS ANOTHER ASPECT THAT
28 WE -- THAT COMES INTO PLACE HERE AS WELL.

1 END PRODUCT RESULTING FROM THE CALCULATION?

2 A YES.

3 Q THANK YOU. LET'S TURN TO B73.

4 A (LOCATES DOCUMENT.)

5

6 (DIAMOND FARMING EXHIBIT

7 B73 MARKED FOR IDENTIFICATION.)

8

9 BY MR. JOYCE:

10 Q WHAT IS THE IMPORT OF YOUR DISCUSSION SET
11 FORTH IN B73 CONCERNING LAG TIME FOR RETURN FLOWS?

12 A I THINK I'VE ALREADY MENTIONED HERE THE
13 IMPORTANCE OF THE LAG TIMES. WHAT THEY DO IS, IN ANY OF
14 YOUR CALCULATIONS, THEY END UP ON RETURN FLOWS, PUTTING
15 THAT RETURN FLOW AS A SOURCE OF WATER IN EITHER THE SAME
16 YEAR THAT THE WATER WAS PERCOLATED, IN THE CASE OF A
17 ZERO LAG TIME, OR AS MUCH AS 15 OR 20 YEARS LATER, IF
18 YOU'RE ASSUMING THAT THE -- SOMETHING LIKE THE
19 AGRICULTURAL RETURN FLOW CAN BE AS HIGH AS 15 OR 20
20 YEARS. AND THE PURVEYORS' CALCULATIONS HAD NUMBERS HAD
21 NUMBERS AS HIGH AS A LAG TIME OF 15 TO 20 YEARS.

22 AND WHEN YOU ARE DOING THOSE LONG NUMBERS,
23 IT CHANGES THE NUMBERS AROUND IT QUITE A BIT BECAUSE
24 AGRICULTURAL RETURN FLOWS, ESPECIALLY WITH THAT EARLY
25 IRRIGATION, WHEN YOU MOVE THOSE RETURN FLOWS FORWARD AND
26 HOW YOU MOVE THEM FORWARD IS REALLY CRITICAL IN COMING
27 UP WITH THE NUMBER FOR -- THAT GOES IN ALL THOSE OTHER
28 PLACES, THE ONE FOR THE INPUT FROM THE RETURN FLOWS.

1 Q DOES IT HAVE A MOVING-FORWARD EFFECT ON THE
2 COMPUTATION OF NATURAL RECHARGE?

3 A AGAIN, YOU HOLD EVERYTHING ELSE --

4 Q EQUAL.

5 A -- EQUAL. YOU MAKE A -- YOU HAVE A
6 50,000-ACRE-FOOT-A-YEAR CHANGE IN THE RETURN FLOWS, AND
7 YOU'RE GOING TO HAVE A 50,000-ACRE-FOOT-A-YEAR CHANGE IN
8 THE YIELD OF THE BASIN -- OR OF THE NATURAL YIELD.

9 Q LET'S ASSUME, BY WAY OF AN EXAMPLE, THAT IF
10 WE WERE LOOKING AT THE YEAR 1985; AND LET'S SAY THAT IN
11 THAT YEAR, YOU ARE STILL USING AS AN INPUT VALUE A
12 PORTION OF THE IRRIGATION THAT OCCURRED 20 YEARS
13 EARLIER -- IF THAT ASSUMED 20-YEAR LAG TIME WAS
14 INACCURATE, AND YOU WERE TO MOVE THE WATER BACK IN TIME
15 ATTRIBUTED TO RETURN FLOWS TO A MORE, IN YOUR OPINION,
16 REASONABLE RETURN FLOW TIME, WOULD THAT, TYPICALLY --
17 WOULD THAT, DEPENDING UPON THE THEN SITUATION, HAVE AN
18 IMPACT FOR THE NATURAL RECHARGE AS CALCULATED?

19 A WELL, IF WE ARE ASSUMING THAT WE'VE
20 CALCULATED THE CHANGE OF STORAGE, AND SO THAT IS STAYING
21 THE SAME, AND ALL WE ARE DETERMINING IS WHEN -- WHERE TO
22 PUT IT, IN WHAT YEARS TO PUT THIS RETURN FLOW -- IF YOU
23 PUT THAT RETURN FLOW FORWARD INTO YOUR -- LET'S SAY, IN
24 '51 TO '63, AND YOU BROUGHT RETURN FLOW FORWARD -- OR
25 SOMETHING LATER, MAYBE IN THE '60S AND '70S, THAT WOULD
26 BE PART OF THE WATER BALANCE TO MAKE THIS CHANGE OF
27 STORAGE WORK.

28 AND IF THAT IS A HIGH NUMBER, THEN -- AND WE

1 KNOW THE OTHER NUMBERS OR HAVE ESTIMATED THE OTHER
2 NUMBERS, THEN THE NATIVE RECHARGE HAS TO GO DOWN JUST TO
3 BALANCE THE EQUATION.

4 IF WE TAKE THAT WATER OUT AND PUT A SMALL
5 LAG TIME IN IT, AND THAT WATER RETURNS TO THE AQUIFER
6 BACK WHEN IT WAS FIRST PUMPED, THEN THAT WATER IS NOT
7 THERE TO RECHARGE THE BASIN, AND YOU HAVE TO REPLACE
8 THAT IN THE EQUATION WITH MORE NATURAL RECHARGE.

9 SO IT IS A ONE FOR ONE. SO IF YOU ARE
10 MOVING -- I MEAN, WE HAD PUMPING IN THE BASIN HERE THAT
11 WAS AS HIGH AS ALMOST 500,000 ACRE-FEET A YEAR. LOTS OF
12 WATER WAS IN THAT RETURN FLOW. AND IF YOU START MOVING
13 THAT AMOUNT OF WATER AROUND, YOU CAN HAVE SIGNIFICANT
14 CHANGES IN YOUR CALCULATIONS.

15 Q AND YOUR FIRST BULLET POINT HERE, PRESUMABLY
16 YOU ARE REPORTING UPON THE CONCLUSIONS REACHED BY
17 MR. GRISMER?

18 A RIGHT. HE MODELED IT. AND HE HASN'T BEEN
19 HERE YET, BUT HE IS GOING -- HE IS SAYING THAT HIS MODEL
20 SHOWED THAT THE LAG TIMES WERE IN THE TWO TO FIVE YEARS.
21 AND I UNDERSTAND A MODEL THAT MR. WILDERMUTH HAD WAS --
22 GAVE THE SAME CONCLUSION.

23 Q YOU UNDERSTOOD THAT THE PUBLIC WATER
24 SUPPLIERS ORIGINALLY ATTEMPTED TO ESTIMATE A RETURN FLOW
25 LAG TIME USING THE HYDRUS MODEL?

26 A YES.

27 Q AND YOU'VE READ THE SUMMARY EXPERT REPORT
28 AND ARE AWARE OF THE LAG TIMES THAT THEIR HYDRUS MODEL

1 COMPUTED; IS THAT TRUE?

2 A THAT IS TRUE, YES.

3 Q AND IT CORRESPONDED WITH DR. GRISMER'S
4 CONCLUSIONS?

5 A THAT IS CORRECT.

6 Q AND DID YOU UNDERSTAND THE EXPLANATION
7 OFFERED IN THE SUMMARY EXPERT REPORT AS TO WHY THE
8 HYDRUS MODEL LAG TIME THAT WAS GENERATED BY
9 MR. WILDERMUTH'S FIRM WAS REJECTED BY THE EXPERTS AS A
10 LAG TIME TO BE USED IN THEIR CALCULATION?

11 A MY UNDERSTANDING IS THAT IF YOU USE THAT
12 SHORT A LAG TIME, THEN THE EQUATIONS DIDN'T BALANCE
13 CORRECTLY. AND YOU NEEDED A LONGER LAG TIME TO BE ABLE
14 TO MAKE THE CALCULATIONS THAT THEY WERE DOING ON CHANGE
15 OF STORAGE AND ALL THE OTHER PIECES -- BE AMENABLE.

16 Q WHEN YOU REVIEWED THE SUMMARY EXPERT REPORT
17 AND YOU UNDERSTOOD THE EXPLANATION OFFERED AS TO WHY
18 THEY REJECTED THE MODELING EFFORT AND ASSIGNED A LAG
19 TIME, DID YOU SEE ANYTHING ELSE THAT SUGGESTED THE
20 ASSIGNED LAG TIME WAS A RESULT OF ANY METHODOLOGY OR
21 CALCULATION?

22 A I CALL IT A BACK CALCULATION. IN OTHER
23 WORDS, THOSE YEARS WERE PUT IN TO MAKE THE EQUATION COME
24 OUT LOOKING THE MOST LOGICAL TO THEM OVER THE DIFFERENT
25 PERIODS THAT THEY USED.

26 Q OKAY. SO COULD WE THEN CONCLUDE THAT THEY
27 ESSENTIALLY USED THEIR ALREADY-CALCULATED NATURAL
28 RECHARGE NUMBER AS A CONFIRMED NUMBER BUT THEN WENT AND

1 FOUND A LAG TIME TO KEEP THAT NUMBER CONFIRMED?

2 A I'M NOT SURE I WOULD PUT IT QUITE THAT WAY,
3 BUT I THINK THE WAY I WOULD PUT THAT WOULD BE THAT THEY
4 DIDN'T WANT TO HAVE SUCH WIDE VARIATIONS FROM PERIOD TO
5 PERIOD IN WHAT THEIR CALCULATED NATURAL RECHARGE WAS,
6 AND THEY NEEDED TO HAVE THIS LAG TIME TO SMOOTH THINGS
7 OUT MORE. THAT'S MY UNDERSTANDING.

8 Q OKAY. AND YOUR SECOND BULLET POINT
9 APPEARING ON B73, CAN YOU TELL US WHAT YOU ARE REFERRING
10 TO AND TALKING ABOUT THERE.

11 A THERE IS NOT THAT MUCH LITERATURE,
12 UNFORTUNATELY, ABOUT THIS, ABOUT ACTUALLY MEASURED LAG
13 TIMES. YOU USUALLY NEED SOME KIND OF A TRACER. AND
14 THERE ARE LOTS OF TRACERS THAT ARE FAIRLY NEW IN USE.
15 BUT THE USGS, JOHN IZBICKI -- I-Z-B-I-C-K-I.

16 AND THEY USE TRACERS TO -- THEY PUT IT INTO
17 PONDS, BOTH WHEN THE VADOSE ZONE, THE UNSATURATED ZONE,
18 WAS WET; AND WHEN IT WAS COMPLETELY UNSATURATED, THERE
19 HADN'T BEEN ANY PERCOLATION GOING ON. AND THEY THEN HAD
20 WELLS TO MEASURE HOW FAST THESE TRACERS GOT DOWN TO THE
21 WATER TABLE. SO IT WAS A PRETTY DIRECT MEASUREMENT OF
22 THESE LAG TIMES.

23 WHAT THEY FOUND WAS THAT BEFORE THERE WAS
24 ANY WETTING, OTHER THAN WHAT YOU WOULD NORMALLY GET FROM
25 RAINFALL OR SOMETHING, THAT IT TOOK ABOUT THREE YEARS
26 FOR THAT WATER -- THE TRACERS IN THAT WATER, TO REACH
27 THE WATER TABLE.

28 AND WE ARE TALKING ABOUT WATER TABLES THAT

1 TO COMMUNICATE TO THE COURT WHEN YOU CREATED EXHIBIT
2 B76.

3 A I HAVE TALKED ABOUT SOME OF THESE AREAS OF
4 UNCERTAINTY, AND THIS IS JUST COMING BACK TO A COUPLE OF
5 THEM. I WANTED TO BRING A QUOTE OUT OF THE GROUNDWATER
6 MANAGEMENT BOOK THAT I CO-AUTHORED, AND IT HAD TO DO
7 WITH USING LAND USE TO ESTIMATE PUMPING; IN OTHER WORDS,
8 WHAT KIND OF ACREAGE WAS THERE OF WHAT LOOKED LIKE
9 AGRICULTURE'S ESTIMATED PUMPING. AND I'LL JUST READ
10 THAT. IT SAYS:

11 "THIS METHOD OF ESTIMATING WATER
12 USE DOES NOT REQUIRE THE COOPERATION OF
13 ANY USERS" --

14 THAT IS A POSITIVE. OBVIOUSLY, IT WAS HERE
15 TOO.

16 -- "BUT IT SHOULD BE USED ONLY
17 FOR ROUGH ESTIMATES WHEN NO OTHER METHOD
18 IS AVAILABLE."

19 THAT IS NOT TO SAY WE HAD ANOTHER METHOD. I
20 THINK THAT THE KEY THING HERE IS THE ROUGH ESTIMATES.

21 Q CERTAINLY, THE OUTCOME OF THE CALCULATION
22 SHOULD NOT IGNORE THAT INPUT, IN REALITY, THAT PART OF
23 THE CALCULATION IS BASED UPON A ROUGH ESTIMATE?

24 A THAT IS CORRECT.

25 Q AGAIN, NECESSITATING THE ACKNOWLEDGMENT OF
26 UNCERTAINTY AND THE NEED FOR A RANGE?

27 A RIGHT.

28 Q AND WITH RESPECT TO YOUR LAST COMMENT

1 APPEARING ON BECOMES B76?

2 A WHEN I TALKED ABOUT M & I WATER USE, I SAID
3 A LOT OF IT WAS GAUGED BECAUSE IT WAS -- OR METERED
4 BECAUSE IT WAS DELIVERED. THAT IS NOT TRUE COMPLETELY.
5 THERE ARE -- THERE WERE AREAS OF M & I THAT WERE OUTSIDE
6 OF WATER SERVICE AREAS.

7 AND I BELIEVE THAT MR. SCALMANINI TESTIFIED
8 THAT HE DIDN'T PUT ANY CALCULATIONS IN FOR ANY PUMPING
9 FOR THESE USES THAT WERE OUTSIDE OF THE WATER SERVICE
10 AREAS THAT WERE METERED.

11 AND THE OTHER THING IS THAT THERE WERE SOME
12 GENERAL RULES THAT WERE USED FOR HOW MUCH WATER OF THE
13 M & I WOULD GO TO OUTSIDE IRRIGATION, ET CETERA.
14 WITHOUT REALLY SEPARATING -- BASICALLY, JUST MELDING THE
15 INDUSTRIAL, GOLF COURSES, EVERYTHING, IN THE M & I.

16 Q SO DIFFERENT KINDS OF WATER CONSUMPTION
17 WITHIN M & I?

18 A RIGHT. DIFFERENT KINDS OF WATER
19 CONSUMPTION; DIFFERENT KINDS OF RETURN FLOWS, THEREFORE,
20 ET CETERA.

21 Q AND WITH RESPECT TO INDUSTRIAL WATER USES,
22 DID YOU NOTE ANYTHING IN THE SUMMARY EXPERT REPORT THAT
23 SEGREGATED AND ATTEMPTED TO QUANTIFY THAT WATER USE
24 SEPARATELY FROM M & I?

25 A NO, I DIDN'T.

26 Q DID YOU YOURSELF UNDERTAKE AN INVESTIGATION
27 TO DISCERN WHETHER OR NOT THERE ARE ANY PARCELS OF REAL
28 PROPERTY WITHIN THE ANTELOPE VALLEY THAT WERE ZONED

1 INDUSTRIAL THAT ARE OUTSIDE THE SERVICE AREA OF THE
2 MUNICIPAL PURVEYORS?

3 A YES. I GOT LAND USE GIS MAPS FOR BOTH LA
4 AND KERN COUNTIES AND TOOK A LOOK AT WHERE THOSE AREAS
5 WERE ZONED INDUSTRIAL AND COMMERCIAL AND THEN OVERLAID
6 THE -- ON THE GIS THE WATER SERVICE AREAS. AND THERE
7 WERE -- THERE WERE USES OUTSIDE THOSE WATER SERVICE
8 AREAS THAT WERE MUNICIPAL AND INDUSTRIAL.

9 Q DID YOU GET --

10 A I'M SORRY. INDUSTRIAL AND GOLF COURSES AND
11 SUCH THINGS.

12 Q DID YOU GET THE ZONING MAPS FROM BOTH KERN
13 COUNTY AND LOS ANGELES COUNTY?

14 A YES.

15 Q DID YOU REVIEW THOSE ZONING MAPS?

16 A YES.

17 Q AND YOU CONTRASTED THOSE AGAINST THE SERVICE
18 AREAS?

19 A YES.

20 Q WERE THERE INDUSTRIAL ZONED PROPERTIES LYING
21 OUTSIDE THE SERVICE AREA OF THE WATER PURVEYORS?

22 A YES.

23 Q AND DO YOU HAVE ANY KNOWLEDGE YOURSELF AS TO
24 WHAT THE QUANTITY OF GROUNDWATER WAS THAT WAS COMMITTED
25 TO THOSE USES?

26 A NO, I DON'T.

27 Q DO YOU KNOW WHETHER OR NOT THERE WAS ANY
28 INDICATION IN THE SUMMARY EXPERT REPORT AS TO WHETHER OR

1 NOT THAT GROUNDWATER PUMPING WAS ACCOUNTED FOR?

2 A I DON'T BELIEVE IT WAS.

3 Q THANK YOU.

4 AND, DR. BACHMAN, AT THIS POINT, HAVE WE
5 PRETTY MUCH COVERED ALL THE AREAS OF CONCERN AND
6 CRITICISMS AND OBSERVATIONS THAT YOU'VE INTENDED TO
7 TESTIFY ABOUT AS IT CONCERNS THE EFFORTS THAT WERE TAKEN
8 BY THE EXPERTS FOR THE PUBLIC WATER PURVEYORS?

9 A YES.

10 Q AND IF I UNDERSTAND IT, YOU ARE PREPARED
11 NEXT WEEK TO PRESENT YOUR AFFIRMATIVE EVALUATION AS TO
12 HOW YOU ARRIVED AT YOUR ESTIMATES; IS THAT CORRECT?

13 A THAT'S CORRECT.

14 Q AND GIVEN THAT, YOUR HONOR, WE WOULD LEAVE
15 DR. BACHMAN FOR CROSS-EXAMINING AS TO THESE ISSUES AT
16 THIS TIME AND, HOPEFULLY, THE FIRST OF THE WEEK, BE ABLE
17 TO PRESENT HIS AFFIRMATIVE OPINIONS.

18 THE COURT: ALL RIGHT. AS I INDICATED, THIS IS AN
19 UNUSUAL WAY OF PROCEEDING, AND I THINK IT IS GOING TO
20 RESULT IN SOME DUPLICATION.

21 MR. BUNN: YOUR HONOR, WE WOULD OBJECT TO THAT AND
22 ASK TO HAVE DR. BACHMAN'S DIRECT TESTIMONY FINISHED
23 BEFORE ANY CROSS-EXAMINATION, AS PROVIDED FOR IN THE
24 EVIDENCE CODE IN SECTION 772. AND WE FEEL THAT IT IS --
25 IN ORDER TO MOUNT AN EFFECTIVE CROSS-EXAMINATION, IT IS
26 NECESSARY TO GET ALL OF DR. BACHMAN'S TESTIMONY.

27 I WOULD ALSO POINT OUT THAT SOME OF THESE
28 EXHIBITS AND CALCULATIONS ARE NEW TO US. WE HAVEN'T

1 GET -- EVEN THOUGH YOU'VE ACCOUNTED FOR THAT WATER IN
2 THE WATER BUDGET, IT HASN'T CHANGED -- IT HASN'T GOTTEN
3 DOWN TO THE WATER TABLE TO MAKE A CHANGE IN STORAGE. SO
4 YOU'RE GOING TO HAVE A LITTLE BIT OF A DISJOINT THERE.

5 SO JUST TO SEE WHAT THAT LOOKED LIKE, I ALSO
6 DID A SECOND PERIOD, WHICH WAS A DRY-TO-A-DRY PERIOD.
7 AND IN THAT CASE, WE ARE LOOKING AT SOMETHING IN
8 WHICH -- THERE ARE PROS AND CONS OF THAT. IN A DRY
9 PERIOD, THERE'S LESS WATER IN TRANSIT, POTENTIALLY,
10 BECAUSE WE HAVEN'T HAD THESE RECHARGE EVENTS.

11 THE PROBLEM WITH THESE DRY PERIODS,
12 GENERALLY PUMPING GOES UP DURING THE DRY PERIODS. AND
13 SO WITH MORE PUMPING RETURNING TO THE BASIN, WE ARE
14 POTENTIALLY NOT GETTING A REAL STATIC LOOK OF THINGS.

15 SO THERE ARE ALWAYS TRADEOFFS WHEN WE LOOK
16 AT THAT. THAT IS WHY I USED TWO DIFFERENT PERIODS. IF
17 WE LOOK AT THE BASE PERIOD FROM '76 TO '92, WE CAN SEE
18 THAT SOME OF THE RAIN GAUGES IN '76 WERE QUITE
19 COOPERATIVE, BUT THERE WAS -- THERE WERE TWO, THERE WAS
20 BOTH A STREAMFLOW AND ONE OF THE RAIN GAUGES, THAT HAD A
21 BIG DIP IN THE BOTTOM.

22 AND WHEN YOU REALLY LOOK ACROSS THAT, IT IS
23 ALMOST IMPOSSIBLE TO CHOOSE A PERIOD THAT DIDN'T HAVE
24 SOMETHING LIKE THAT GOING ON. SO I KNOW I HAVE GOTTEN
25 SOME UNCERTAINTY RELATED TO WHERE THAT BASE PERIOD WAS
26 BEGINNING THAT I HAVE HAD TO KEEP IN MY MIND.

27 SO THAT'S REALLY HOW I CHOSE -- HOW I CAME
28 ABOUT CHOOSING THE BASE PERIOD. '76 TO '92 ALSO LESS OF

1 A CHANGE IN STORAGE, SO YOU CAN TAKE MANY OF THOSE
2 UNCERTAINTIES OUT -- OR MINIMIZE THEM, I SHOULD SAY.

3 Q WHEN YOU SAY YOU LOOK FOR A PERIOD WHERE YOU
4 HAVE LITTLE OR NO CHANGE IN STORAGE, DOES THAT MINIMIZE
5 THE UNCERTAINTY AND THE ISSUES OF UNCERTAINTY THAT WE
6 HAVE BEEN TALKING ABOUT THUS FAR TODAY?

7 A IT MINIMIZES THE UNCERTAINTIES ON
8 CALCULATING THE STORAGE CHANGE, NOT IN THE OTHER
9 NUMBERS.

10 Q I UNDERSTAND. WHAT ARE THE KEY COMPONENT
11 NUMBERS THAT YOU WOULD BE LOOKING AT IN APPLYING A BASE
12 PERIOD WHERE YOU HAVE LOW OR MINIMAL CHANGE OF STORAGE?
13 IN OTHER WORDS, WHAT ARE GOING TO BE THE KEY COMPONENTS
14 THAT WE HAVE TO CONCERN OURSELVES WITH?

15 A THE KEY COMPONENTS ARE GOING TO BE THE OTHER
16 PARTS OF THE EQUATION. SO THAT WILL BE PUMPING, IT WILL
17 BE OUR RETURN FLOWS, IT WILL BE NATURAL RECHARGE --
18 THOSE KINDS OF THINGS.

19 Q OKAY. NOW, CAN YOU EXPLAIN TO THE COURT THE
20 METHODOLOGY THAT YOU USED TO DO -- FIRST OF ALL, STRIKE
21 THAT.

22 WITH REFERENCE TO THE TWO BASE PERIODS, DID
23 YOU APPLY AN ACCEPTABLE METHODOLOGY AND ARRIVE AT AN
24 OPINION CONCERNING THE STATE OF THE BASIN AND THE AMOUNT
25 OF RECHARGE, OR MORE IMPORTANTLY, YIELD?

26 A WHAT I DID WAS, I USED THE SAME -- WHERE WE
27 ARE GOING ON HERE WITH SOME OF THESE EXHIBITS, I USED
28 THE SAME EQUATIONS THAT EVERYONE WAS USING. AND SO I

1 WAS LOOKING AT THE DIFFERENT COMPONENTS. SOME OF THESE
2 WERE ONES THAT I RELIED ON FROM THE OTHER EXPERTS ON OUR
3 SIDE.

4 AND THEN THE KEY THING WAS, BECAUSE I HAD
5 SAID A LOT -- QUITE A BIT ABOUT THE STORAGE CHANGES AND
6 HOW TO CALCULATE THEM AND THE TROUBLE YOU CAN GET IN,
7 AND SO I DID A BIT RESEARCH ON IT, JUST TO SEE IF WE
8 COULD MINIMIZE THAT.

9 AND ONE OF THE THINGS -- I TALKED TO A LOT
10 OF PEOPLE, AND I THINK ONE OF THE MOST INSTRUCTIVE
11 THINGS THAT I GOT ON THIS ONE WAS FROM THE USGS. AND IT
12 WAS FROM JEFF DILLON, WHO IS THEIR -- IN THE CENTRAL
13 DISTRICT FROM THE USGS. HE IS THEIR LEAD GROUNDWATER
14 SPECIALIST, A TECHNICAL GUY.

15 AND WE HAD A LOT OF DISCUSSION ABOUT THIS,
16 AND HE WAS REALLY ADAMANT ABOUT HOW YOU SHOULD GO ABOUT
17 DOING THIS. AND I HAVE A QUOTE THAT I'M GOING TO READ
18 HERE. IT WOULD HAVE BEEN ON THE SCREEN, BUT WE ARE NOT
19 QUITE THERE YET. HE SAYS:

20 "WE ENCOURAGE COMPUTING THE
21 CHANGE," MEANING THE CHANGE IN WATER
22 LEVELS, "AT EACH WELL, THEN CONTOUR
23 THE RESULTS, RATHER THAN SUBTRACTING
24 TWO SURFACES, BECAUSE THE RESULT IS
25 MORE DEFENSIBLE TO MAP."

26 IN OTHER WORDS, TAKING A WATER LEVEL AT THE
27 BEGINNING AND A WATER LEVEL AT THE END, SUCH AS THE
28 TECHNIQUE WILDERMUTH USED. THAT IS SUBTRACTING THE

1 YIELD," WHICH IS VERY COMMON. THAT'S MORE THAN JUST A
2 NATURAL RECHARGE. THAT INCLUDES OTHER RECHARGE SOURCES
3 AS WELL, SUCH AS RETURN FLOWS. IT IS LOOKING AT THE
4 BASIN AS IT IS AND HOW MUCH WATER MAKES IT BACK DOWN TO
5 THE AQUIFER AS RECHARGE. AND THE PERENNIAL YIELD IS
6 GENERALLY WHAT A BASIN IS MANAGED AGAINST.

7 Q OKAY. WELL, THERE'S BEEN A NUMBER OF
8 DIFFERENT TERMS IN THIS PROCEEDING OFFERED UP THUS FAR.
9 ONE OF THEM HAS BEEN THE CONCEPT OF "SUSTAINABLE YIELD."
10 IN YOUR PROFESSIONAL OPINION, IS THERE A DIFFERENCE
11 BETWEEN THE TWO?

12 A THERE IS. AND I THINK THE USGS PROBABLY PUT
13 IT BEST, AND THEIR DEFINITION INCLUDES MORE THAN JUST
14 THE FACT THAT THE RECHARGE -- THAT THERE IS -- THAT THE
15 AMOUNT OF RECHARGE COMING BACK INTO THE BASIN ON A
16 LONG-TERM BASIS IS THE SAME AS THE WATER THAT IS COMING
17 OUT.

18 THEY ALSO TOOK INTO ACCOUNT SOCIAL AND
19 ECONOMIC AND ENVIRONMENTAL FACTORS AS WELL. SO THEY
20 PUT -- I GUESS WHAT WE'D CALL IT WOULD BE THE REALITY
21 CHECK ON IT.

22 BECAUSE ONE OF THE PROBLEMS, AS YOU CAN SEE,
23 WITH THIS -- AND I HAVE THE SAME PROBLEM -- IS THAT WE
24 ARE GOING TO HAVE A RANGE ON THESE NUMBERS, AND ONE HAS
25 TO THEN DETERMINE HOW YOU ARE GOING TO MANAGE THE BASIN
26 WITH THESE RANGES.

27 AND I THINK THE FACT THAT -- IF WE ARE
28 TALKING ABOUT "SUSTAINABLE," AND YOU THINK ABOUT THE

1 ECONOMIC SIDE OF IT, PERHAPS THAT IS HOW YOU START
2 MANAGING THE BASIN TO A SUSTAINABLE YIELD.

3 IF THAT HURTS THE AQUIFER -- OBVIOUSLY, YOU
4 ARE MONITORING, ET CETERA. IF THAT HURTS THE AQUIFER,
5 THEN YOU HAVE TO LOWER WHAT THAT SUSTAINABLE YIELD IS.

6 Q I THINK WHAT I'M REALLY GETTING AT --

7 A I'M GETTING AHEAD OF MYSELF A LITTLE BIT.

8 Q IN YOUR CHOICE OF WORDS, WHY DID YOU USE
9 "PERENNIAL" AS OPPOSED TO "SUSTAINABLE"?

10 A "PERENNIAL" IS LOOKING AT THE WATER MOVEMENT
11 IN THE AQUIFER AND NO OTHER FACTORS. SO IT IS LOOKING
12 AT THE HYDROGEOLOGIC SIDE OF IT.

13 Q IN YOUR ANALYSIS, YOU ARE NOT ATTEMPTING TO
14 OFFER UP AN OPINION AS TO A RANGE OF NUMBERS THAT
15 INVOLVES ANY CONSIDERATION OF ECONOMICS, POLITICS,
16 ENVIRONMENTAL, SOCIAL CONCERNS. NONE OF THAT IS
17 INVOLVED; CORRECT?

18 A CORRECT.

19 Q IT'S STRICTLY A HYDROLOGIC ANALYSIS?

20 A THAT'S CORRECT.

21 Q AND YOU OPTED TO NOT USE THE TERM
22 "SUSTAINABLE" BECAUSE, AS FAR AS YOU ARE CONCERNED, THAT
23 EMBRACES THOSE OTHER CONCEPTS?

24 A THAT'S CORRECT.

25 Q THANK YOU.

26 ALL RIGHT. AND YOU INDICATED THAT
27 APPARENTLY YOU CAME UP WITH THOSE TWO DISTINCT NUMBERS.
28 CAN YOU TELL THE COURT, FOR YOUR BASE PERIOD OF 1985 TO

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26
27
28

SUPERIOR COURT FOR THE STATE OF CALIFORNIA
COUNTY OF LOS ANGELES

DEPARTMENT NO. 316 HON. JACK KOMAR

COORDINATION PROCEEDING)	
SPECIAL TITLE (RULE 1550B))	
ANTELOPE VALLEY GROUNDWATER CASES))	JUDICIAL COUNCIL
)	COORDINATION
)	NO. JCCP4408
PALMDALE WATER DISTRICT AND)	
QUARTZ HILL WATER DISTRICT,)	SANTA CLARA CASE NO.
)	1-05-CV-049053
CROSS-COMPLAINANTS,)	
)	
VS.)	
)	
LOS ANGELES COUNTY WATERWORKS,)	
DISTRICT NO. 40, ET AL,)	
)	
CROSS-DEFENDANTS.)	

STATE OF CALIFORNIA)
COUNTY OF LOS ANGELES) SS.

I, GINGER WELKER, OFFICIAL REPORTER OF THE
SUPERIOR COURT OF THE STATE OF CALIFORNIA, FOR THE
COUNTY OF LOS ANGELES, DO HEREBY CERTIFY THAT THE
TRANSCRIPT DATED MARCH 16, 2011 COMPRISES A FULL, TRUE,
AND CORRECT TRANSCRIPT OF THE PROCEEDINGS HELD IN THE
ABOVE ENTITLED CAUSE.

DATED THIS 17TH DAY OF MARCH, 2011.

OFFICIAL REPORTER, CSR #5585