

1 Q. What was the nature of your testimony
2 or opinions in that matter?

3 A. It was the source and transport of
4 arsenic and chromium in the groundwater, and it was
5 sort of an adjunct case to the civil action, the one
6 that was described in the civil action. The ^{movie} Mouviours
7 (phonetic) Civil Action.

8 Q. Any other experience in providing
9 opinions or testimony in connection with groundwater
10 basins?

11 A. I'm trying to think. Oh, yes. In the
12 San Gabriel -- San Gabriel basin. It was a
13 litigation between Miller Brewing and an agency of --
14 the San Gabriel agency.

15 Q. Who was your client in that matter?

16 A. Miller Brewing.

17 Q. And if you could describe for me your
18 involvement in that matter. Were you an expert
19 witness?

20 A. I was an expert witness.

21 Q. And did you have your deposition taken?

22 A. Yes, I think I actually went to court
23 also.

24 Q. Okay.

25 A. I can't recall. It was a while ago.

1 Q. I was going to ask you when that matter
2 went to trial.

3 A. Maybe 15 years ago.

4 Q. Do you recall who the trial attorneys
5 were?

6 A. It was Rogers & Morton.

7 Q. Okay. That's who you worked with?

8 A. Yes.

9 Q. Do you recall the name of the attorney?

10 A. Yes. A Japanese fellow who became an
11 attorney at Nossaman and subsequently has retired
12 because he had multiple sclerosis. His name will
13 come to me in a minute.

14 Q. Okay. Any other matters than the three
15 that you've just identified where you provided expert
16 opinions or testimony in connection with groundwater
17 basins?

18 A. Yes. There is another one up in
19 Ventura that involved a dispute between the operator
20 of a landfill in the Regional Water ~~called the~~ *Control Quality*
21 Control Board.

22 Q. What was the nature of your involvement
23 in that matter?

24 A. Determining where the water came from
25 and where it went to and how it moved around.

1 matter?

2 A. To investigate the fate and transport
3 of the material from the site.

4 Q. I believe --

5 A. I'm sure there are others, but I can't
6 think of them right at the moment.

7 MR. EVERTZ: Let's go off the record.

8 (Short break.)

9 MR. EVERTZ: Back on the record.

10 THE WITNESS: I recall one other case I was
11 involved in, too. It was called EPA -- involved a
12 litigation between EPA and AstraZeneca, again
13 involving ^{Iron} High Mountain Mine in Redding, California.

14 BY MR. EVERTZ:

15 Q. When were you retained in that matter?

16 A. Probably -- well, it went on for years.
17 Probably 10 years ago.

18 Q. Okay. And who was your client in that
19 matter?

20 A. AstraZeneca.

21 Q. What were your job responsibilities?

22 A. To find the flow paths for the copper
23 that was leaching out of the ^{Iron} High Mountain Mine into
24 the Sacramento River.

25 Q. How did that matter resolve itself?

1 of your report, it says, "There is also clear
2 evidence that groundwater development in the East
3 Antelope basin has not influenced the West Antelope
4 basin," and then it goes on.

5 Does groundwater pumping in the West
6 Antelope basin impact flows to the East Antelope
7 basin?

8 A. Not currently. I believe.

9 Q. What do you base that opinion on?

10 A. The groundwater contours over the basin
11 divide.

12 Q. Has pumping in the West Antelope basin
13 ever influenced groundwater flow moving towards the
14 East Antelope basin?

15 A. I think that certainly occurred in the
16 past. The difficulty with this is to establish what
17 time we're talking about. If you go back before
18 there was any development in the area, then the water
19 that fell in the Tehach^ais and the San Gabriel
20 mountains that was -- north of what I call the
21 bedrock ridge. The only consumption for that water
22 would have been evapotranspiration and the excess of
23 that water would have, because of gravity, would have
24 flown and spilled over into the east basin and
25 ultimately became part of the Rosamond dry lake which

1 Of the order of eight, 8,000.

2 Q. Okay. Looking at page 5 of your
3 report, there's a discussion about the Neenach or
4 Neenach fault?

5 A. Yes.

6 Q. Actually on page 4 and 5. Is it your
7 conclusion that the Neenach fault does not provide a
8 barrier to groundwater flow?

9 A. Yes. I don't think the Neenach fault
10 even exists in the ^{alluvium} alluvion.

11 Q. Moving forward to page 6, figure five.
12 It refers to section AA prime across West and East
13 Antelope basins?

14 A. Figure.

15 Q. Figure five on page 6?

16 A. Yeah.

17 Q. Would you describe for me what this is?

18 A. This is a reproduction of plate 6 out
19 of the USGS open file report 67-21 which was done by
20 Bloyd back in 1966. What he did was take a number of
21 well logs and tried to interpret from those well logs
22 where the groundwater profiles were and he shows a
23 dislocation in the groundwater profile through the
24 Neenach fault which may have been just an artifact of
25 -- which I think -- my interpretation is that it's an

1 So far as I'm aware, nobody has done
2 those geophysical surveys. So if you're depending on
3 well logs, well logs are really only giving you an
4 indication of what things are like because the
5 probability that the well actually went down on the
6 very top of the ridge line is very unlikely.

7 Q. I think you're anticipating my next
8 question. It looks like you've moved forward to
9 figures 11 and 12.

10 On figure 11 on page 13, there's a line
11 there that's AA prime. Can you describe for me what
12 that is?

13 A. Oh. The way we constructed this figure
14 was to accumulate as much information as we could
15 about the depth of the basement rock, by looking at
16 specific well logs, and the red and blue dots on here
17 are well logs that we looked at and tried to assess
18 where the depth of the basement rock was, and using
19 that information, along with the -- knowing where the
20 basement rock actually comes out of the ^{alluvium} alluvion,
21 plus some other oil well information, we developed
22 these groundwater contours -- bedrock contours which
23 is the depth of the bedrock.

24 Now, what AA prime is is describing a
25 section that we've plotted on figure 12.

1 Q. Okay.

2 A. And if -- where there is substantial
3 groundwater pumping, it's pretty much always
4 subsidence. Long Beach, there was oil. Delano,
5 Modesto, up in the Central Valley. There's huge
6 depressions that have been caused by pumping. Huge
7 groundwater, huge subsidences occurred here in the
8 east basin. There's no major subsidence in the west
9 basin. So that if the pumping in the east basin had
10 lowered groundwater levels, we'd expect to see some
11 subsidence.

12 Q. Does that hold true regardless of the
13 makeup of the material within the respective basins?

14 A. Yeah. What happens is if you have clay
15 layers, then the subsidence is irreversible. You
16 can't pump it back up again. If it's just regular
17 alluvium, groundwater levels come back, and it's
18 possible to get it back up again.

19 Q. Are you aware if there are any -- if
20 there's clay in either the West Antelope basin or
21 East Antelope basin?

22 A. Yes. There's some clay layers in the
23 West Antelope basin, accompanying the foothills of
24 the Tehach^ais.

25 Q. How about in the east basin?

1 use -- whether the Randsburg Mojave fault was a
2 serious ^{impediment} ~~impairment~~ to groundwater flow, and so I
3 spent some time trying to analyze the well water
4 levels that were involved in the neighborhood of the
5 Randsburg Mojave fault.

6 It became clear that the northeastern
7 part of that fault up towards the Willow Springs area
8 did support -- was a significant impairment to
9 groundwater flow. There was a substantial drop
10 across the fault, but as you came through the west
11 down to where the Centennial project was, it appears
12 that there's no impairment to flow at that area, and
13 so it seemed that as a separate thinking of that --
14 thinking of that as a separate basin was not as
15 obvious as the two big sedimentary basins you had
16 east and west of the bedrock ridge.

17 Q. Because it was a common water supply,
18 it did not appear to be --

19 A. It was a common water supply and
20 because the area -- the area to the north of
21 Randsburg Mojave fault was receiving its water from
22 the same source as the water south. All it did was
23 run along the fault and turn around and run through
24 the Centennial project and then run off towards the
25 east again.

1 Q. That's essentially your basis for
2 differentiating between basins is whether it's a
3 common water source, common water supply?

4 A. That's my interpretation, yes.

5 Q. Were there any other areas that you
6 analyzed for purposes of evaluating whether the
7 Centennial project would be in a different basin and
8 would have different water supply than the area where
9 Palmdale and Lancaster is located?

10 A. Only the fact that that whole area west
11 of the bedrock ridge is receives -- its native yield
12 is off of infiltration in the Tehach^ais and the part
13 of the San Gabriel Mountains which are north of
14 Antelope Buttes, and it's clear that the rock barrier
15 between Little Buttes and Antelope Buttes doesn't
16 allow water to pass at the current groundwater levels
17 because the bedrock is up above the water level
18 between that Little Buttes and Antelope Buttes and so
19 that water that falls on the San Gabriels south of
20 Antelope Buttes is effectively part of the native
21 yield of what I call the east basin and the San
22 Gabriel -- what falls on the San Gabriels north of
23 Antelope Buttes is -- goes into the -- what I call
24 the west basin.

25 Q. Was there any other area or geologic,

1 Mountains north of Antelope Buttes.

2 There is one other barrier in here
3 which effectively separates off part of the Tehachapi
4 native yield and that's the Willow Springs fault.
5 It's clear that water that goes into the ground north
6 of the Willow Springs fault tends to flow east/north
7 of the Willow Springs fault, and there's a very
8 substantial drop in the groundwater elevation north
9 and south of the Willow Springs fault. It appears to
10 be quite an ^{impediment} ~~impairment~~ to flow.

11 MR. ZIMMER: I'm going to let Mr. Joyce talk
12 to you about that. I'm sure he's infinitely
13 interested. I'm having trouble figuring out why you
14 suddenly got in the game so to speak. I mean --

15 MR. KUHS: Argumentative.

16 BY MR. ZIMMER:

17 Q. Somebody retained you for some purpose.
18 You didn't just say, hey, guys I think I need to give
19 you some information.

20 A. No. I don't market at all.

21 Q. Who contacted you and what did they
22 want you to do?

23 A. Well, Mr. Fudacz -- Mr. Fudacz was the
24 attorney for Tejon Ranch at the time, and he was
25 looking for a consultant to help him understand how

1 the east basin is coming out of the Tehachipis and
2 the San Gabriels north of the Antelope ^{Buttes} Valleys and
3 the source of water for the east basin is out of the
4 San Gabriel Mountains.

5 And so you have flux lines that are
6 coming from either direction towards the bedrock
7 ridge.

8 Q. Has any other investigator over the
9 past 30 years concluded that, other than you on
10 behalf of Tejon in your most recent endeavor on
11 behalf of Tejon?

12 A. I haven't seen anybody draw any flux
13 lines. Let's put it that way. And when you draw the
14 flux lines, that's the only conclusion you can come
15 to. So as soon as some other investigator starts
16 drawing the flux lines, then you're going -- they'll
17 arrive at that conclusion.

18 Q. Well, has any other investigator over
19 the past 30 years concluded that the water for the
20 West Antelope Valley basin is -- the water source is
21 separate from the water source for the East Antelope
22 Valley?

23 MR. KUHS: Asked and answered.

24 THE WITNESS: I haven't seen it in print.

25 BY MR. ZIMMER:

1 Q. That's not my question. My question
2 is --

3 A. No. That answers your question.

4 Q. No. My question is this: Over time,
5 we know that depending upon what's happening in the
6 basin, the water movement over the bedrock ridge has
7 changed.

8 A. It's changed from predevelopment.

9 Q. Correct?

10 A. It's changed from predevelopment.

11 Q. Right. And development is not a static
12 thing. Development changes over time, doesn't it?

13 A. Of course.

14 Q. So depending upon what happens in the
15 future, the development may change, the development
16 may increase, water levels can continue to change on
17 one side of the ridge or the other?

18 A. They may.

19 Q. If there's pumping on the westside,
20 that could change how the water moves?

21 A. There is pumping on the westside and
22 there's pumping on the eastside, and there's pumping
23 in the whole neighborhood of the bedrock ridge, and
24 what the records show is over the last 40 -- 30 to 40
25 years, the groundwater flow ^{direction} ~~duration~~ has been towards

1 the ridge from the west and been toward the ridge
2 from the east.

3 Q. That doesn't mean it's not going to
4 change in the future?

5 A. Oh, I can't project what's going to
6 happen in the future.

7 Q. All right. And if pumping increases or
8 decreases either on the westside or the eastside,
9 that could change how the water's moving across the
10 bedrock ridge; correct?

11 A. Oh, yes. Of course. If people on the
12 west decide to pump like fury, it's going to
13 change the groundwater contours and vice-versa.

14 Q. Are you giving some opinion as to the
15 current amount of water moving across the bedrock
16 ridge, whether it's moving east or west, west to
17 east, north to south or the amount thereof?

18 Do you intend to give some kind of
19 opinion like that at trial?

20 A. Yes. And the opinion is going to be
21 based on the contour -- groundwater contour ^{pattern} ~~patents~~
22 that have been extant since 1975.

23 Q. What's the opinion?

24 A. The opinion is that groundwater is
25 flowing towards the bedrock ridge from the east basin

1 change the whole groundwater contour path.

2 Q. But do you have any --

3 A. And the groundwater ^{contour} ~~content~~ is

4 consistent with the fact that water is flowing
5 towards the ridge from the east and towards the ridge
6 from the west, in that general neighborhood. The
7 evaluation 2,200 -- if you look at the evaluation
8 2,200 groundwater contour, it represents a -- a
9 depression in the groundwater contour profile.

10 Q. So we can have the other experts
11 eventually look at those contours that you're relying
12 on in that respect, can you identify that for us?

13 A. Identify the contours? Unfortunately,
14 as I mentioned at the outset here, the groundwater
15 contours which are included here as figure 16, 17, 18
16 -- 16, 17 and 18 are in fact privileged under the --
17 because they're part of the Technical.

18 I didn't realize this was happening
19 when they went in here. So what I'm in the process
20 of doing is redrawing these contours with my own
21 information, having -- using publicly available
22 information.

23 Q. Could you make a sketch for us that
24 shows --

25 A. Well, see the reason I put these in

1 here is I drew my own contours, and I didn't have the
2 software to be able to make pretty pictures like
3 this. So when I was producing this report for Fred
4 Fudacz and Babs Akinde, I wanted to -- it was very
5 convenient to use these contours which Bill Leever
6 had made such a nice job of, and since they didn't
7 differ from what I had drawn, I said these are
8 prettier than I drew, so I'll use these because
9 they're, in essence, exactly the same.

10 Mine are a little more comprehensive
11 because what I did is I extrapolated using the rules
12 that I said before, the fact that groundwater
13 contours have to be orthogonal to the flow net and so
14 that if you draw a groundwater -- if you sketch a
15 groundwater contour that shows an inconsistency in
16 the flow net, then it's a bad contour. Also since
17 you know that the Randsburg Mojave fault -- in the
18 northeast part of the Randsburg Mojave fault is an
19 ^{edi}impairment and you know that the Willow Springs fault
20 ^{edi}is an impairment, you have to have the groundwater
21 contours parallel to that impairment.

22 It enables you to draw the contours,
23 and Bill Leever didn't use that information here. So
24 my groundwater contour is actually better than his in
25 the regions where he has omitted something.

1 west and the east sides?

2 A. That's what the groundwater contours
3 imply.

4 Q. But you have no way to currently as we
5 sit here right now put any kind of number to that?

6 A. I can't put a number to that as we sit
7 here now. I could -- what you'd have to do to do
8 that is look at the pumping records of the pump -- of
9 who's pumping within the 2,200 ^{elevation} ~~evaluation~~ of 2200
10 contour.

11 Q. Even if you were able to do that now,
12 that would likely change going into the future?

13 A. Well, I don't know -- I don't know
14 what's going to happen in the future in the Antelope
15 Valley. People may -- may decide the Antelope Valley
16 is no longer attractive and ^{it} may stay static for a
17 while. I don't know.

18 Q. Or they may decide it's a great place
19 to live, nice, dry climate and build lots of houses?

20 A. I don't know what -- what's going to
21 happen in the Antelope Valley.

22 Q. Right. So the answer to my question,
23 even if you could do it right now, this slice in
24 time, it wouldn't be accurate in terms of what
25 happens in the future potentially?

1 capture where you can draw the stream lines that come
2 into the well and then the stream lines that bypass
3 the well.

4 Now, it is possible that there are
5 specific wells down in the valley here whose capture
6 zone splits and goes back into both the San Gabriel
7 or the -- and the Tehachapi Mountains, but it's
8 unlikely. Because if you draw the stream lines, it
9 would be very -- the stream lines diverge as you
10 leave -- as you go up the valley, the stream lines
11 diverge because the stream lines coming off of the
12 Tehachapi Mountains and the ~~steam~~ ^{stream} line is coming off
13 the San Gabriel Mountains.

14 If I draw the capture zone for any
15 specific well down in the bottom of the valley there,
16 the capture zone is unlikely to have stream lines
17 from both the San Gabriel and the Tehachapi Mountains
18 zone.

19 BY MR. ZIMMER:

20 Q. You haven't done that analysis?

21 A. Well, you can do it.

22 Q. You haven't done it as you sit here
23 right now?

24 A. I've done it mentally.

25 Q. You haven't actually looked at the

1 A. Right.

2 Q. Will subsidence also be affected by the
3 volume of pumping and how fast the water is pulled
4 out versus how fast it can recharge?

5 A. Well, if you pull the groundwater --
6 groundwater ^{elevation} evaluation down, you can end up -- if
7 you're in a stable situation where you're pumping at
8 the rate at which water is being supplied, then it's
9 unlikely that you're going to create subsidence, but
10 if water is supplied to the neighborhood where you're
11 pumping, it is such that you keep reducing the
12 groundwater surface evaluation, then you'll end up
13 with subsidence.

14 Q. If you pull all the water out faster
15 than it recharges, you're more likely to have
16 subsidence?

17 A. Yes. That's exactly what happened
18 here, and it's shown in figure 19 and figure 20 and
19 21.

20 Q. And in the Antelope Valley, water moves
21 very slowly?

22 A. Excuse me?

23 Q. Water moves very slowly, groundwater,
24 in the Antelope Valley?

25 MR. KUHS: Objection, vague.

1 BY MR. ZIMMER:

2 Q. We had some testimony from Mr. Rhone
3 yesterday and he said it could take a thousand years
4 for water to get from the western side of the basin
5 to the eastern side.

6 Would you agree with that?

7 A. ^{am}
~~In~~ actual water molecule?

8 Q. Correct.

9 A. Yeah, but that's true, but likely. But
10 in fact changes in water surface evaluation can
11 propagate a whole lot faster than the water molecules
12 themselves move. What a lot of people don't realize
13 is you can get waves on the surface of groundwater
14 just the same as you can get waves in an ocean.

15 The fact that the wave propagates from
16 China to here doesn't mean that the water particle
17 comes from China to California and the same thing
18 happens in groundwater. You can put in
19 groundwater -- when you produce a wave, the waves
20 tend to have a speed of propagation which is fixed by
21 bulk modulus and the transmissivity.

22 Q. If you have a wave of groundwater, does
23 that mean that the groundwater level can actually be
24 higher groundwater than it would be otherwise?

25 A. Well, let me explain how waves

1 redundant?

2 A. It's redundant information really. It
3 has not much bearing on the conclusions that I've
4 drawn here other than the fact that it helped me draw
5 the groundwater contours. Because if the Neenach
6 fault had actually been an ^{edi}impairment to the flow, it
7 would have shown me that the contour should have been
8 parallel to that fault as they are parallel to the
9 Willow Springs fault ^{and} in the northern part of the
10 Randsburg Mojave fault.

11 Q. Okay. I suppose someone else would ask
12 this if I don't ask it right now, but in the flash
13 drives that you've provided here today -- and thank
14 you for doing that -- does it have that analysis or
15 any of that work product in there that you've just
16 talked about?

17 A. Yeah. It's in there. You'll see
18 there's a PowerPoint presentation that's got the
19 slides in it.

20 Q. It's in a PowerPoint format?

21 A. Yes.

22 Q. Normally, a PowerPoint format is
23 intended for a presentation usually to a group of
24 individuals.

25 Did you have an opportunity to use that

1 ridge area as you go -- there's a line that can be
2 drawn, say, from the big buttes to the Little Buttes,
3 but as you go forth --

4 MR. KUHS: Antelope.

5 MR. DUNN: Yes, thank you. Antelope Valley
6 Buttes to the Little Buttes.

7 Q. But as you continue in that same
8 direction leaving the Little Buttes area, there's
9 some uncertainty in your mind at least as to where
10 you would map or can specifically map the bedrock
11 ridge area. Is that a fair statement?

12 A. No. There's no uncertainty as to where
13 the bedrock ridge area is. The uncertainty in my
14 mind is where the actual ridge line is, in other
15 words, and what the greatest ^{altitude} attitude is because I
16 don't know whether these wells have actually been
17 drilled on the ridge line. It may be that the ridge
18 line is actually higher than what's shown in these
19 sections that I've drawn here.

20 So I know that the ridge line is likely
21 between the sections AA, A prime and DD prime that
22 I've drawn here.

23 Q. Yes.

24 A. But what the actual elevation of that
25 ridge line is or the trace on the ground where the

1 ridge line is is more than what you can sort of infer
2 from the -- from the aerò-magnetic surveys and the
3 gravity surveys that it's likely to be between the
4 Little Buttes and Willow Springs.

5 So we know it's in that general area
6 and I would -- if I had to take a wager, I would draw
7 a line from the Little Buttes over to Willow Springs.

8 Q. All right. Thank you. And are you
9 going to draw that line?

10 A. No. I'm not going to draw it because I
11 don't have the information to draw it, but I know
12 that if I were -- that the bedrock ridge lies within
13 half a mile of that line in either direction, but
14 where the actual ridge line is, I don't know, and I
15 don't think anybody else does at this point, but in
16 terms of the ^{in bediment}impairment to the flow, I mean it's
17 there, and we know from the sections AA and DD that
18 -- on the one that I modified there --

19 Q. Exhibit 110?

20 A. This one here.

21 Q. Exhibit 109?

22 A. Yeah. You can see from exhibit 109 if
23 you project DD on to -- DD prime on to AA prime,
24 there's not a very large amount of saturated material
25 unless -- unless there is a sort of a valley that's

1 MR. DUNN: Okay.

2 Q. Now, when you say this --

3 A. This area between the Garlock fault and
4 the San Andreas fault forms a groundwater valley and
5 where water flows up to the Tehach^ais and water
6 flows off the San Gabriels. That comes down into
7 this zone here between the Little Buttes and the
8 Willow Springs and this contour here is a closed
9 contour.

10 Q. What I'm going to do is see if I can
11 get something in color and just shade it as the
12 valley.

13 MR. BARRON: I've got a couple of colors.

14 MR. DUNN: What do you have?

15 MR. BARRON: Red and blue.

16 (Off-the-record discussion.)

17 BY MR. DUNN:

18 Q. Here's a red.

19 A. When I talk about a groundwater valley,
20 you think of these as the contours which are coming
21 down here, and this is the groundwater valley which
22 is in here.

23 Q. Which is in red. Okay.

24 MR. ZIMMER: Like a topographic map.

25 THE WITNESS: Like a topographic map. The

1 A. No. Never seen it.

2 Q. I would assume then that you've never
3 seen the plate that he created to illustrate what he
4 had described textually within the memorandum?

5 A. No.

6 Q. I'll represent to you that he was
7 attempting to overlay various mapping efforts by
8 earlier investigators. By way of example, Carlson
9 and Phelps, Bloyd and I believe others as well and
10 essentially illustrate the differences to the extent
11 that they did differ, but the one universal feature
12 that I observed that appeared to be consistent by
13 most all of the earlier mappers was that the northern
14 boundary in the western portion of the Antelope
15 Valley groundwater basin was fixed at the Willow
16 Springs and Cottonwood fault lines.

17 A. Well, I can understand why because
18 there's a serious impairment to groundwater flow.

19 Q. Well then --

20 A. Groundwater ^{elevation contours} ~~evaluation contents~~ suggest
21 there's a serious ^{edi} ~~impairment~~.

22 Q. Now you've kind of got ahead of me
23 because that's where I was going with my question.
24 What I really want to know is I presume in your
25 efforts in connection with this matter you've kind of

1 A. No.

2 Q. All right. Do you have -- if I
3 understand you correctly, your analysis suggests that
4 at least in the more contemporary time frame, the
5 last 30 to 40 years that if you analyze three or four
6 different approaches to viewing the same problem, you
7 come to the conclusion that there is an obstruction
8 to flow or to interconnectedness running between the
9 buttes that you've identified?

10 A. There's an obstruction to flow between
11 Antelope Buttes and Little Buttes.

12 Q. That's running from the south to the
13 northeast up towards the Willow Springs area?

14 A. That's right.

15 Q. All right. And in part, that being
16 confirmed by the fact that there's kind of a -- it
17 appears by the groundwater ^{elevation} ~~evaluations~~ that you were
18 discussing, and in the ones you intend to in fact
19 draw to illustrate that in that generalized area,
20 water -- the water table is moving from a higher
21 ^{elevation} ~~evaluation~~ consistently towards a lower ^{elevation} ~~evaluation~~
22 with that being the focal point?

23 A. The focal point is in the neighborhood
24 of the bedrock ridge, yeah.

25 Q. Got you. And as such then, at least

1 be the case.

2 Q. So if we were looking for an
3 explanation, we'd want to look north of the Willow
4 Springs/Cottonwood fault lines to find out why the
5 springs have now disappeared to see if there is an
6 answer available?

7 A. I'd need to study it before offering an
8 opinion about that.

9 Q. Well, I will represent to you that my
10 client owns a fair amount of acreage north of the
11 Willow Springs fault line. My client pumps
12 groundwater and my client farms.

13 And what I really want to know is can
14 you -- strike that.

15 In your opinion, does the pumping
16 that's occurring down in the Lancaster and Palmdale
17 area, primarily for the municipal maintenance of
18 households and the like, have any significant or,
19 more importantly, quantifiable impact upon the water
20 supply available to pumpers north of Willow Springs
21 and Cottonwood?

22 A. No. It's not likely that that would
23 occur because the water surface ^{elevation} ~~evaluation~~ north of
24 the Willow Springs fault is 250 feet high and south
25 of the Willow Springs fault.

1 So it's not conceivable that any
2 activity south of the Willow Springs fault is going
3 to have a significant effect through that level of
4 impairment.

5 Q. Mother nature doesn't create completely
6 impermeable barriers, but there's a fairly
7 significant barrier, correct?

8 A. It's a fairly significant barrier if it
9 can support differences in water surface ^{elevation} evaluation
10 of that, but clearly if you draw down groundwater and
11 increase --

12 Q. The head --

13 A. -- increase the head, then the amount
14 of flow is going to increase.

15 Q. And then the issue is whether or not it
16 is -- the magnitude of the leakage is enough to make
17 it worth being concerned about?

18 A. That's right.

19 Q. Okay. Based upon your investigation of
20 the area, that groundwater level differential has
21 stayed fairly consistent over time. Am I correct?


22 A. It appears to have, yeah.

23 Q. That same 250 to 300 feet ^{elevation} evaluation
24 change has been pretty much, going back to Bloyd and
25 coming forward, been a consistent reported feature of

DECLARATION
OF
PENALTY OF PERJURY

I declare under penalty of perjury, under the laws of the State of California, that I have read the foregoing transcript, I have made any corrections, additions or deletions that I was desirous of making in order to render the within transcript true and correct, and

IN WITNESS WHEREOF, I have hereunto subscribed my name this 8th day of October, 2008.



E. JOHN LIST, Ph.D., P.E.